



# UNITED STATES PATENT AND TRADEMARK OFFICE

UNITED STATES DEPARTMENT OF COMMERCE

United States Patent and Trademark Office

Address: COMMISSIONER FOR PATENTS

P.O. Box 1450

Alexandria, Virginia 22313-1450

www.uspto.gov

APPLICATION NO.	FILING DATE	FIRST NAMED INVENTOR	ATTORNEY DOCKET NO.	CONFIRMATION NO.
10/601,406	06/23/2003	Pavel Novak	03685-P0004B	7777
24126 7590 07/01/2010 ST. ONGE STEWARD JOHNSTON & REENS, LLC 986 BEDFORD STREET STAMFORD, CT 06905-5619				
EXAMINER				
DAILEY, THOMAS J				
ART UNIT		PAPER NUMBER		
2452				
MAIL DATE		DELIVERY MODE		
07/01/2010		PAPER		

**Please find below and/or attached an Office communication concerning this application or proceeding.**

The time period for reply, if any, is set in the attached communication.

1                               RECORD OF ORAL HEARING  
2                               UNITED STATES PATENT AND TRADEMARK OFFICE

3                               \_\_\_\_\_  
4                               BEFORE THE BOARD OF PATENT APPEALS  
5                               AND INTERFERENCES

6                               \_\_\_\_\_  
7                               *Ex Parte* PAVEL NOVAK

8                               \_\_\_\_\_  
9                               Appeal 2009-010849  
10                              Application 10/601,406  
11                              Technology Center 2400

12                             \_\_\_\_\_  
13                             Oral Hearing Held: June 10, 2010

14                             \_\_\_\_\_  
15                             Before JAMES D. THOMAS, MASHID D. SAADAT, and  
16                             CARL W. WHITEHEAD, Jr., *Administrative Patent Judges*.

17                             APPEARANCES:

18                             ON BEHALF OF THE APPELLANT:

19                             TODD M. OBERDICK, ESQUIRE  
20                             St. Onge, Steward, Johnston & Reens, LLC  
21                             986 Bedford Street  
22                             Stamford, Connecticut 06905-5619  
23  
24  
25  
26

1 THE USHER: Calendar No. 28, Appeal No. 2009-010849,  
2 Mr. Oberdick.

3 JUDGE THOMAS: Good morning. Have you been here before, sir?

4 MR. OBERDICK: Good morning, I have, yes.

5 JUDGE THOMAS: Okay. Is this your colleague?

6 MR. OBERDICK: I'm sorry?

7 JUDGE THOMAS: Is this a colleague of yours?

8 UNKNOWN SPEAKER: Just an observer.

9 JUDGE THOMAS: Okay. Do you have any objection to him being  
10 present in the room?

11 MR. OBERDICK: No, sir.

12 JUDGE THOMAS: Okay, proceed.

13 MR. OBERDICK: If you don't have any questions, you'd just like me  
14 to --

15 JUDGE THOMAS: Well, I would like to tell you we have a pretty  
16 good understanding of the disclosed and claimed invention and the  
17 references of record and the Examiner's positions as well as yours.

18 MR. OBERDICK: Okay.

19 JUDGE THOMAS: You can probably feel safe in proceeding on that  
20 basis.

21 MR. OBERDICK: Okay. Like I said, as I'm sure you are aware then,  
22 you know, basically and just very briefly what we're talking about is the, the  
23 control system basically that relies on two separate networks. All of the  
24 claims, and there are various embodiments, but one of the things that is  
25 common to all of the claims, obviously, is the requirement for two networks,  
26 the surgical network and what we call the ancillary network. Basically, you

1 know, you're probably also familiar with the reason behind providing the  
2 two networks.

3       Essentially, certain types of networks have certain advantages. The  
4 control network in this case, the surgical network, is in one embodiment. It's  
5 a CAN, control area network, and provides certain advantages as far as very  
6 easily allowing different devices to be plugged in and configured. It has,  
7 without getting into the details, it has advantages, but it also has  
8 disadvantages. It has relatively low bandwidth for when you're dealing with  
9 certain types of video devices, and also there are devices that we would like  
10 to control that are simply just not compatible. They're not sophisticated  
11 enough to be compatible with the CAN network. So that's what the ancillary  
12 network is provided for those two reasons. One is it takes care of devices  
13 that have a higher bandwidth than the CAN is capable of transmitting. And  
14 it also has -- you know, basically, it can be hooked up to devices that are not  
15 sophisticated enough to be hooked up to a CAN device. This will allow the  
16 benefits of the CAN network as far as control goes, and also compatibility  
17 with far more devices than you would be able to connect directly to the CAN  
18 network.

19       JUDGE WHITEHEAD: I'm sorry, you kind of faded off a little bit at  
20 the end. Can you repeat that?

21       MR. OBERDICK: Oh, I'm sorry. So basically, the benefit of  
22 providing the two networks is that you can achieve the benefits of the CAN  
23 network as far as devices that are connectable to it, while at the same time  
24 with the system overall, providing compatibility with a wider array of  
25 devices because we're able to connect to devices that cannot transmit their  
26 data for whatever reason over the CAN network. That's basically the main

1 purpose and gist of, of this Application. And I believe that all of the claims  
2 share those common, those common elements.

3 Now, obviously the main cited reference is Bauer. Bauer is a very  
4 early, very early example of centralized control in an operating room  
5 environment. But Bauer really -- it discloses two completely separate  
6 networks, and in our view, it doesn't disclose any single device that is in  
7 communication with, with both networks which is also required by all of the  
8 claims. Essentially, all of the claims require at least one device that is  
9 receiving control signals, either directly or indirectly, and either through a  
10 separate translator or through a translator that is a part of a different device  
11 that is directly connected to the CAN network. So basically, a device that's  
12 receiving control signals that are transmitted over the CAN network, while  
13 at the same time outputting data via the second ancillary network, whether  
14 it's because the data that is outputting is not compatible with the CAN  
15 network or it has a higher bandwidth than the CAN network is capable of  
16 transmitting. And it's Applicant's view that Bauer simply doesn't disclose  
17 that, and the Examiner has admitted that Bauer doesn't disclose that which is  
18 why the Examiner relies on the taking of Official Notice. And obviously a  
19 great deal of time is spent in the papers objecting to the Examiner's taking of  
20 Official Notice for several reasons. And without getting into the citations of  
21 all of the MPEP sections, they were quite extensive that we cited in our  
22 Appeal Brief that we believe -- support the position that this is not a proper  
23 situation for the taking of Official Notice basically because (a) this whole  
24 concept of a device that's connectible to two networks goes to the very heart  
25 of the invention. If you look at the background of our Application, right  
26 from the very beginning, you know, it's obvious that this system topography

1 is the very crux of the invention. All of the claims share these common  
2 elements, so that this is not -- for that reason alone, the MPEP is pretty  
3 specific. It says that you should not -- the Examiner should not take Official  
4 Notice of matters that go to the heart of the invention, that basically taking  
5 Official Notice should be reserved for peripheral matters that are basically  
6 beyond dispute.

7 Also, the MPEP does say that if the Applicant challenges the taking of  
8 Official Notice, the Examiner should provide some evidence, either a  
9 reference or an affidavit, to essentially back up the taking of the Official  
10 Notice. And that's essentially what the Applicant has been doing in this  
11 case, basically just trying -- asking the Examiner to present a reference so  
12 that at least the Applicant can challenge the reference, look at the teachings  
13 of the reference, and try to point out maybe the Examiner just didn't really  
14 understand the state of the art or the reference. In this case, the Applicant's  
15 hands are essentially tied, for the most part, because the Examiner is taking  
16 Official Notice. We really have no way of disputing or trying to point out if  
17 there's a misunderstanding. Without a reference, it's very difficult to, you  
18 know, to challenge the Examiner's Rejection.

19 Now finally, and for the very first time in the Examiner's Answer, he  
20 does cite a reference as evidence of the taking of Official Notice which is  
21 this Igarashi -- I'm sorry, it's I G A R -- I G A R A S H I, et al. And he cites  
22 that reference for the proposition that it was very well known to connect a  
23 single device, medical device, in this case it's a camera, to two networks.  
24 But it's our contention, and when you -- you'll see we've specifically  
25 addressed this in the Reply Brief, that actually that reference supports the  
26 Applicant's position because it -- the device that it teaches is not connected

1 to two networks. It's only connected to one single network, which all along  
2 has been what Applicant believes was the state of the art, and that's what  
3 Bauer teaches and that's what's known. So again, even that reference, not  
4 that it was cited as a reference, it was cited as evidence of the taking of  
5 Official Notice, but, again, it doesn't support the taking of Official Notice,  
6 and Applicant submits, actually contradicts the Examiner's position that it  
7 was -- that this concept was extremely well known.

8 Do you have any questions?

9 JUDGE THOMAS: Any questions from the panel? Okay.

10 MR. OBERDICK: Okay, thank you. These guys usually want a  
11 business card?

12 JUDGE THOMAS: That would be great, thank you.

13 Whereupon, the proceedings were concluded.